

STATE OF VERMONT  
PUBLIC UTILITY COMMISSION

CASE NO. 17-3550-INV

IN RE:

Investigation pursuant to 30 V.S.A. §' 30  
and 209 regarding the alleged failure of  
Vermont Gas Systems, Inc. to comply with the  
certificate of public good in Docket 7970 by  
burying the pipeline at less than required  
depth in New Haven, Vermont --

CASE NO. 18-0395-PET

IN RE:

Notice of Probably Violations of Vermont Gas  
Systems, Inc. for certain aspects of the  
construction of the Addison natural gas  
pipeline --

VOLUME I

D E P O S I T I O N

-of-

GREGORY R. LIEBERT, PE

held on Tuesday, October 12, 2021, via Zoom  
conferencing platform, commencing at 9:45 a.m.

REPORTED BY: MARILEE JAYE YOUNG, CSR

(Appearances listed on page 2)

1 half an axle when utilizing the GPTC method?

2 A. Uh, I won't, you know, I'm sorry I've  
3 introduced that half axle, you know. I will  
4 say that the GPTC requires a wheel load where  
5 the CEPA requires an axle load, and the  
6 calculations, obviously internal to that  
7 calculator, doubles the wheel loads for the  
8 GPTC or I would never get the same answers for  
9 stresses imposed by the vehicle.

10 Q. So do you agree that it's appropriate to  
11 utilize a wheel load that represents one half  
12 of the axle load when you're calculating using  
13 the GPTC method?

14 A. Correct.

15 Q. And are you also saying that because the GPTC  
16 method and the CEPA methods have similar  
17 results that you believe both are calculating  
18 a wheel load?

19 A. No. I'm saying that they're both -- if a  
20 wheel load is inputted, inputted into the --  
21 However they calculate it, whether it's one  
22 wheel or a full axle, I'm not sure, because I  
23 don't -- can't see the equations. But, when  
24 you input a wheel load into GPTC and you put  
25 an axle load into CEPA, the answers come out

1 to relatively the same number for your three  
2 different hoop stresses. Therefore, how they  
3 handle that calculation, whether one takes an  
4 axle and cuts it in half, it just does a  
5 wheel, or the other one takes a wheel and  
6 doubles it, I don't know.

7 Q. Why don't you know, uh -- Why don't you know?

8 A. Because I can't see the calculations. This  
9 calculator is just you put the inputs in, you  
10 know, unlike the API document that I have for  
11 how the API does the calculations where they  
12 show all of the calculations, which I went  
13 through last night, the GPTC and the CEPA I  
14 can't find anywhere where the calculations are  
15 revealed. So, therefore, I don't know how  
16 it's handled, whether it's doubled or halved.  
17 But, in my opinion, it doesn't matter, because  
18 the answers for that vehicle with an axle  
19 weight of, um, thirty-six -- I'm sorry -- with  
20 an axle weight of 36,800 pounds will produce  
21 relatively the same hoop stress for live load  
22 as whether it's one calculator or the other.

23 Q. Okay. And so is it fair to say that you no  
24 longer believe that it was inappropriate for  
25 Mott MacDonald to utilize a value of 18,400

STATE OF VERMONT  
PUBLIC UTILITY COMMISSION

CASE NO. 17-3550-INV

IN RE:

Investigation pursuant to 30 V.S.A. §' 30  
and 209 regarding the alleged failure of  
Vermont Gas Systems, Inc. to comply with the  
certificate of public good in Docket 7970 by  
burying the pipeline at less than required  
depth in New Haven, Vermont --

CASE NO. 18-0395-PET

IN RE:

Notice of Probably Violations of Vermont Gas  
Systems, Inc. for certain aspects of the  
construction of the Addison natural gas  
pipeline --

VOLUME II

D E P O S I T I O N

-of-

GREGORY R. LIEBERT, PE

held on Wednesday, October 20, 2021, via Zoom  
conferencing platform, commencing at 9:33 a.m.

REPORTED BY: MARILEE JAYE YOUNG, CSR

(Appearances listed on page 2)

1           did provide as an exhibit for my original  
2           testimony but not this document 'cause it's  
3           completely different.

4       Q.    So you didn't review the CEPA -- you didn't  
5           refer the Surface Loading Calculator User  
6           Manual before you performed any of your CEPA  
7           calculations?

8       A.    I testified to that numerous times in the  
9           first deposition, that I didn't know what the  
10          calculations looked like because I did not  
11          have a manual, so I've already admitted that  
12          in testimony that I did not have this  
13          document.

14      Q.    Okay.  So that's a Yes, you have not reviewed  
15           this document and did not review it before you  
16           performed your calculations using the CEPA  
17           tool?

18      A.    This particular document I did not review.

19      Q.    Okay.

20      A.    I can't review something if I don't have it.

21      Q.    And did you make any effort to get it?

22      A.    I tried.

23      Q.    Did you ask Mr. Dumont whether he had a copy  
24           of it?

25                           ATTORNEY DUMONT:  Wait.  He

1 A. All of this --

2 THE REPORTER: I can't understand  
3 you when you're talking at the same time.

4 A. All right, Owen, I did not do hand  
5 calculations. I, like Mott MacDonald, used a  
6 calculator. I inputted values, and the  
7 calculator did these calculations for me, just  
8 as they did for Mott MacDonald. So  
9 questioning me on the nuances of the  
10 mathematics behind these equations is not  
11 relative to what I did, it's not -- it doesn't  
12 have anything to do with what I did, which was  
13 plug in numbers into a calculator.

14 Q. And it's not relevant because you don't  
15 understand the calculations behind the  
16 calculator tools?

17 ATTORNEY DUMONT: Object to the  
18 form.

19 A. I disagree with you.

20 Q. So, then, let's -- we're looking at the  
21 calculations --

22 A. I'm not going to answer questions about this,  
23 because it's nothing that I did. I didn't  
24 depend on these calculations for any of my  
25 testimony or opinion. Therefore, I'm not

1 hoop stress minus the longitudinal stress?

2 A. I don't know. As I told you, I did not use  
3 these equations in any of the work that I did  
4 when I put together my testimony. So, to ask  
5 me to know exactly what this equation's doing  
6 after not seen it for years is a basis for me  
7 not answering any questions about it.

8 Q. Because you're unable to answer because you  
9 don't have the knowledge to answer or because  
10 you're unwilling to testify about it?

11 A. Neither of your answers. I'm unwilling to  
12 talk about this because it's been years since  
13 I've used one of these stress calculations,  
14 actually calculated by hand, which is not  
15 uncommon. I've been out of school over forty  
16 years. And since, and this is the important  
17 part, since I did not rely upon these  
18 calculations for any of my testimony, I have  
19 no reason to be familiar forty-some years  
20 after school with these equations, and I can't  
21 be any more clear than that.

22 Q. All right, so you've reviewed Mott MacDonald's  
23 June 15, 2021, memorandum, correct?

24 A. Hold on, please. Oh, yes, I have reviewed  
25 that, yeah.

1 absolute value of the total hoop stress, the  
2 absolute value of the longitudinal stress, or  
3 the absolute value of the hoop stress minus  
4 the longitudinal stress, or some combination?

5 A. And I'm going to go back to what I said  
6 earlier, I'm not going to answer any questions  
7 about that equation.

8 Q. Because you don't know or because you don't  
9 aren't willing to testify about it?

10 A. Owen, I've already answered this question. I  
11 said it's because I did not rely on that  
12 equation for anything that I testified to;  
13 and, therefore, you know, I'm not going to  
14 answer any questions, whether I know it or  
15 not, because I didn't use it, I didn't run any  
16 hand calculations, and I did not rely upon it.

17 Q. Okay. So you performed CEPA calculations,  
18 correct?

19 A. I plugged numbers into a calculator and looked  
20 at the results.

21 Q. And that calculator relies on this equation,  
22 doesn't it?

23 A. No, it does not. If you look at the exhibits  
24 that I submitted, which you've got one right  
25 in front of you.

1 Q. Yeah?

2 A. It doesn't say anything about Tresca. It says  
3 "combined stresses per max stress theory and  
4 combined stress per von Mises theory." I  
5 don't see the word "Tresca" in here anywhere.

6 Q. Is the max shear stress theory the same as the  
7 Tresca theory?

8 A. I don't know.

9 Q. According to the CEPA instruction tools  
10 setting forth these two formulas, is it safe  
11 to say that the CEPA calculator relies on  
12 these equations to perform the total effective  
13 stress under either theory?

14 ATTORNEY DUMONT: Object to the  
15 form.

16 A. I would agree that the calculator that I used,  
17 since it specifically says "von Mises theory  
18 for equivalent stress," does rely upon  
19 Equation 15, and I would say that the  
20 calculator that Mott MacDonald used, since it  
21 specifically says the "Tresca equation" relies  
22 upon Equation 14.

23 Q. And I think earlier you testified that you  
24 always used the max theory because that always  
25 produces a higher overall stress, correct?

1 SMYS. Therefore, I didn't have to get into  
2 the nuances of the two different equations,  
3 because they both --

4 Q. Yes, but --

5 A. You cut me off.

6 Q. Sorry. Go ahead.

7 A. Because they both led to, in my opinion, a  
8 failure, so it didn't matter to me which one.  
9 But, for the purposes of my testimony, I said,  
10 as an engineer, I like to be conservative so I  
11 took the higher number.

12 Q. And the reason I think -- I'm not trying to  
13 hide the ball here, Greg. The reason I think  
14 it's relevant is because I'm trying to figure  
15 out why you disagree with Mott MacDonald with  
16 respect to the total allowable stress; and,  
17 um, Mott MacDonald's contention is that the  
18 total allowable stress set forth in B31 is  
19 found at paragraph 833.4. And I'll pull that  
20 paragraph up here, again, so you can see it.  
21 And this is the -- this is the paragraph that  
22 they cite in their memo and you don't address  
23 this in your testimony, so I want to  
24 understand what your opinion about it is.

25 So on the left, this is 833.4, and it sets

1           forth two calculations, two methods, for  
2           calculating the combined biaxial stress state  
3           of the pipeline in the operating mode; and it  
4           sets forth two equations for evaluating that.  
5           One is the absolute value of the hoop stress  
6           minus the longitudinal stress, and the other  
7           one is the von Mises theory; correct?

8       A.    Okay, and I'll tell you exactly why I didn't  
9           give this any credence, and I think they're  
10          totally incorrect.

11       Q.    Okay.

12       A.    Is that, if you look at the sub Hs and the sub  
13           Ls, there is no total next to that. If you  
14           look at the von Mises and everything you have  
15           on your right-hand screen, every one of those  
16           signas has a sub H or a sub L underscore  
17           total. So I know those equations on your  
18           right-hand screen insist that you calculate  
19           all of the stresses that are present due to  
20           the loading conditions of the pipe before you  
21           compare it. This equation, these two  
22           equations up here, the absolute value of SH  
23           minus SL don't say "total" and neither does  
24           the second equation say "total," even though  
25           the second equation is the von Mises approach,

1 but it doesn't say "total." So, if I'm  
2 looking at a pipe and the only load on that  
3 pipe is the pressure inside the pipe, which is  
4 very possible if you have a pipe that's just  
5 sitting on top of the ground, it's not going  
6 to have any significant other pressures. So  
7 the only stresses that are going to go into  
8 the Tresca or the von Mises are due to  
9 internal pressure, period. So I believe that  
10 this equation is strictly addressing the  
11 conditions of internal pressure only and not  
12 total loads or subsequent stresses on the  
13 pipe.

14 Q. What about the equation makes you think that?

15 A. I just said --

16 Q. What about this paragraph?

17 A. Excuse me?

18 Q. What about this paragraph makes you think that  
19 it's limited to internal pressure on the pipe?

20 A. Because it doesn't say anywhere calculate all  
21 of the hoop stresses, calculate all of the  
22 longitudinal stresses and, when you do that,  
23 total them and then plug them into the  
24 equation. Which this document on the  
25 right-hand side, by having sub H, underscore

1 total, means all of the stresses. And in the  
2 case of 833.4 -- uh, if you just give me a  
3 minute, I want to pull up that document.  
4 Okay. So 833 point -- Owen, I need a minute  
5 to look at the -- my ASME document. Okay, be  
6 with you in just one second.

7 Okay, so I do see above that where it does,  
8 SL is basically defined as summation, for  
9 longitudinal stress, the summation of four  
10 different stresses. So I would say I agree,  
11 that's a total, it's total.

12 Q. That the calculations here show total combined  
13 stress at 833.4?

14 A. Well, just the term is "net longitudinal  
15 stresses in a restrained pipe," is SL, and  
16 it's looking at internal pressure, hoop --  
17 internal pressure, and it's looking at thermal  
18 expansion, it's looking at axial loading, and  
19 it's looking at bending stresses. But the one  
20 thing it does not look at, which is  
21 significant, is hoop stresses due to external  
22 loads such as soil and dirt. So that's why I  
23 don't believe it's applicable to a crossing  
24 calculation.

25 Q. You know, in general, you agree that the same

1 want to just read back the last question, and  
2 then we can go from there.

3 THE REPORTER: Hang on just a  
4 second. I'm going to go back through all the  
5 chatter.

6 ATTORNEY McCLAIN: That's fine, I  
7 can just do it. Are you ready, Marilee?

8 THE REPORTER: I am.

9 Q. So, Greg, I take it, based on your responses  
10 to the discovery we filed, that prior to your  
11 September 10 testimony you had never  
12 calculated surface loading on a natural gas  
13 pipeline before?

14 A. That is correct.

15 Q. That's correct. And do you think the fact  
16 that you had never performed those  
17 calculations before contributed to the  
18 mistakes that you made when you submitted your  
19 September 10 testimony?

20 A. It definitely contributed to the one mistake  
21 that I made, which was using the wrong wheel  
22 loading.

23 Q. And what were the other mistakes that you  
24 made?

25 A. That was it.

1 Q. That was the only mistake that you made?

2 A. Yeah. I entered, for the one calculator,  
3 which was the GPTC, I entered the wrong value  
4 for wheel loading.

5 Q. Okay. So there's a couple of questions at the  
6 end that I just want to confirm are your  
7 admissions. One of them is "Admit that  
8 Mr. Liebert has never performed any work as a  
9 licensed engineer on a natural gas pipeline";  
10 and it says "Admit," but it's not signed by  
11 you. Is that your admission?

12 A. I admitted that, yeah.

13 Q. Yeah. And the second one is that "Admit that  
14 Mr. Liebert is not qualified to design an AC  
15 Mitigation Plan"?

16 A. I admit to that.

17 Q. You admit to that. The third one is that  
18 "Admit that Mr. Liebert has never conducted  
19 any surface loading calculations for a natural  
20 gas pipeline prior to preparing the Liebert  
21 testimony in this case"; and I think you  
22 admitted to that, too, correct?

23 A. I did.

24 Q. "Admit that Mr. Liebert is not qualified to  
25 provide an opinion about appropriate soil

1           classifications"; and there you said "Denied."

2           Are you -- Are you a qualified geotechnical  
3           engineer?

4       A.    Um, I'm sorry, Owen, did I just say deny and  
5           no explanation after that?

6       Q.    There's an explanation after it, but my  
7           question is, are you a qualified geotechnical  
8           engineer?

9       A.    Absolutely not.

10      Q.    The next admission is, "admit that Mr. Liebert  
11           does not know whether a 345-kV line will be  
12           proposed or approved in the VELCO right of way  
13           in New Haven"; and you admitted that?

14      A.    Correct.

15      Q.    And then, and then we've talked a lot about  
16           the design factor. I don't think we need to  
17           address that one.

18                    ATTORNEY DUMONT: Owen, let's talk  
19           about it for another couple hours.

20                    ATTORNEY McCLAIN: If I thought that  
21           Mr. Liebert was going to give better answers,  
22           then I think I would, but I think that we've  
23           got the knowledge on --

24                    ATTORNEY DUMONT: Better?

25                    ATTORNEY McCLAIN: More

1 Q. No, I read the document, Greg. I don't need  
2 to go through that document. I'm curious  
3 about the documents you didn't produce, what  
4 they are and what they say?

5 A. Okay.

6 Q. Do you want to identify any of them?

7 A. No. I have no idea. Owen, you know as well  
8 as I do that we all skim documents. You know,  
9 you do enough research, website research, you  
10 skim documents. But, if I'm not going to use  
11 that for anything, why would I produce it.  
12 It's just skimming.

13 Q. Well, it -- prior to your testimony about AC  
14 mitigation in this case, had you ever -- had  
15 you ever worked on any case regarding AC  
16 mitigation?

17 A. No.

18 Q. And so, in your view, do you think it's  
19 relevant for the Commission to understand what  
20 the basis of your knowledge is in this case to  
21 provide expert testimony about AC mitigation?

22 A. No.

23 Q. You don't -- In your view, you don't need to  
24 provide any explanation about the basis for  
25 you testifying as an expert about AC

1 mitigation?

2 A. I did not testify as an expert about AC  
3 mitigation. I testified as an expert about  
4 the Statutes of Vermont, the professional  
5 regulations imposed -- the Statutes covering  
6 the practices of licensed engineering in  
7 Vermont, that's what I testified to. And you  
8 reminded me that I did make a statement about  
9 dirt and whether it affected AC mitigation,  
10 and I said, Yes, I did say that, and I  
11 produced a document that I relied upon for  
12 that answer. That's pretty simple, Owen,  
13 pretty straightforward.

14 Q. Do you think, in your opinion, do I have,  
15 without being an engineer, the appropriate  
16 knowledge and skills to testify about whether  
17 depth of cover is a factor in AC mitigation?

18 A. I think you've got enough common sense that  
19 you would know that it does. Anything that  
20 provides resistance to electricity reduces  
21 voltage. It's a simple equation. I -- It's  
22 the Ohm's law: Whenever you increase  
23 resistance, you change -- you reduce voltage.  
24 Pretty darn straightforward.

25 Q. And so in this question, in response to 11,

1           respect to the adequacy of the AC Mitigation  
2           Plan in the New Haven area?

3       A.    No.

4       Q.    Did you review any of those other factors and  
5           their potential impact on the AC Mitigation  
6           Plan that was developed by ARK Engineering?

7       A.    No.

8       Q.    Do you know whether those other factors would  
9           have the effect of offsetting any change in  
10          the depth of cover such that no changes to the  
11          mitigation plan would be needed?

12      A.    No, I'm not qualified to answer that.

13      Q.    And so the only thing you're qualified to do,  
14          as far as I understand it here, is to testify  
15          about the stamping of the plans and to make a  
16          recommendation as to whether or not someone  
17          should review those plans again?

18      A.    I think that sums it up pretty perfectly.

19      Q.    Okay. I know this is frustrating, but  
20          sometimes the whole purpose is just to narrow  
21          the understanding. And, if your testimony is  
22          limited to those two things, then that's what  
23          it's limited to. Now, you also have this  
24          second Question 11 that says, "What's the  
25          relevance of less than 3 foot of cover to a

1 Plan." And then you recommend that the  
2 Commission should use an order that VGS cease  
3 operation of the ANGP unless, within a  
4 specified number of days after the order, VGS  
5 submits an AC Mitigation Plan and Cathodic  
6 Protection Plan for the as-built ANGP that is  
7 signed and sealed by a Vermont-licensed  
8 professional engineer." Correct, that's your  
9 testimony?

10 A. That's correct.

11 Q. Now, I'm -- what I want to ask you about on  
12 this point, Greg, is a couple things. One is,  
13 I think you testified earlier that you would  
14 not be -- you would not have the experience  
15 and knowledge necessary to design an AC  
16 Mitigation Plan; do we agree on that?

17 A. We would agree, yes.

18 Q. And do you -- are you aware of any firm in  
19 Vermont that would have the appropriate  
20 knowledge and expertise to design an AC  
21 Mitigation Plan?

22 A. No.

23 Q. You don't know of a single firm that could do  
24 that?

25 A. No, I do not. Not now, I don't.

1 A. Filling out a form and submitting back-up  
2 documentation.

3 Q. And what back-up documentation did you submit?

4 A. Time sheets, drawings, statements from Vermont  
5 Gas, statements from CHA, letters, emails, you  
6 name it.

7 Q. Now, if someone -- I think that when you did  
8 the prior natural gas work that that was a --  
9 you did that work at a point in time when your  
10 design firm had design capabilities; is that  
11 right?

12 A. That's correct.

13 Q. And meaning you had other folks working at the  
14 firm?

15 A. Yes.

16 Q. And did they have any specific natural gas  
17 knowledge?

18 A. Well, yes, just like I did with, you know, not  
19 pipeline but piping and, you know, the use of  
20 natural gas through industrial facilities and  
21 institutions and so forth, yes.

22 Q. And, when you had, you know, when Liebert  
23 Engineering had design capabilities, if  
24 someone came to the firm and asked you to  
25 design a 41-mile, 12-inch pipeline,

1 transmission pipeline, is that the kind of job  
2 that you would have taken on, um --

3 A. Absolutely not.

4 Q. And why not?

5 A. Because there are -- First of all, we have no  
6 qualifications for designing a 41-mile natural  
7 gas pipeline. We weren't big enough. And  
8 there are many, many firms around the country  
9 who do nothing but oil and gas pipeline. It  
10 would be a no brainer. It was not our area.

11 Q. Now, why wouldn't you have been big enough?

12 A. Well, I'll tell you what, when I looked at the  
13 time sheets for CHA, they probably had -- they  
14 had hundreds of people working on the project  
15 and charging to the project. So it takes a  
16 lot of people to do it, apparently. You know,  
17 they got millions of dollars in fees for doing  
18 this.

19 Q. And CHA's role on the project, I take it you  
20 weren't satisfied with them stamping the plans  
21 at the time that they did stamp the plans?

22 A. My complaint involved not stamping plans -- So  
23 my testimony involved that they failed to  
24 stamp issue-for-construction drawings and  
25 that, when they did it after the fact, because